

EXHIBIT 14

1 UNITED STATES DISTRICT COURT
2 FOR THE NORTHERN DISTRICT OF CALIFORNIA
3 SONOS, INC.,
4 Plaintiff,
5 vs. Case No. 3:21-CV-07559-WHA
6 GOOGLE LLC
7 Defendant.

8 -AND-

9 GOOGLE LLC,
10 Plaintiff,
11 vs. Case No. 3:20-CV-06754-WHA
12 SONOS, INC.,
13 Defendant.

14 **HIGHLY CONFIDENTIAL ATTORNEYS' EYES ONLY**

15 ZOOM DEPOSITION OF JONAS LEVAI
16 (Reported Remotely via Video & Web Videoconference)
17 Zurich, Switzerland (Deponent's location)
18 Wednesday, May 4, 2022
19
20

21 STENOGRAPHICALLY REPORTED BY:
22 REBECCA L. ROMANO, RPR, CSR, CCR
23 California CSR No. 12546
24 Nevada CCR No. 827
25 Oregon CSR No. 20-0466
Washington CCR No. 3491
JOB NO. 5213332
PAGES 1 - 125

<p>1 Internet. 03:28:57</p> <p>2 Q. Okay. So just to be clear for the record</p> <p>3 then, the version of the YouTube Remote app that</p> <p>4 was available on November 9th, 2010, did allow the</p> <p>5 YouTube Remote to be paired with a Leanback screen 03:29:21</p> <p>6 even if they weren't on the same Wi-Fi network; is</p> <p>7 that correct?</p> <p>8 A. Do you know which date this video is from</p> <p>9 exactly? Because there are -- there are quite a</p> <p>10 few videos that I referenced in the declaration. 03:29:37</p> <p>11 Q. I can get you that. Hold on one sec.</p> <p>12 So I will represent to you this is the</p> <p>13 November 11th, 2010, video that is titled on</p> <p>14 YouTube, "YouTube (Lean back) Remote App for</p> <p>15 Android." 03:30:13</p> <p>16 A. Yes, this -- this is then the first</p> <p>17 release of YouTube Remote on this video.</p> <p>18 Q. Okay.</p> <p>19 A. And yes, it supported playback over -- or</p> <p>20 like not playback. It supported connection to the 03:30:28</p> <p>21 TV over 3G.</p> <p>22 Q. Okay. And, Mr. Levai, do you know if</p> <p>23 Google internally refers to this pairing process as</p> <p>24 manual pairing?</p> <p>25 MR. HEFAZI: Objection. Form. 03:30:59</p> <p style="text-align: right;">Page 94</p>	<p>1 A. So from what I remember, there was a -- a 03:32:35</p> <p>2 code displayed on the Leanback screen. And if the</p> <p>3 same code was entered in the -- the mobile clients,</p> <p>4 then they would be able to connect to -- to that</p> <p>5 screen. 03:32:59</p> <p>6 Q. Okay. Mr. Levai, I want to ask a couple</p> <p>7 of questions, I guess, outside of -- stepping aside</p> <p>8 from -- from YouTube Remote for -- for a second.</p> <p>9 Do you have a Google Home or Nest speaker</p> <p>10 device? 03:34:24</p> <p>11 A. No.</p> <p>12 Q. Have you ever used a Google Home or Nest</p> <p>13 speaker device?</p> <p>14 A. I -- I believe I did. I did use lots of</p> <p>15 devices. 03:34:40</p> <p>16 Q. Okay. And just to be clear, when I say</p> <p>17 "speaker device," I'm also including some of the</p> <p>18 Google or Nest, what they call the hub display</p> <p>19 products.</p> <p>20 So just going back for a second, have you 03:34:53</p> <p>21 ever used a Google Home display or one of the</p> <p>22 Google or Nest speakers?</p> <p>23 A. I did use them before, yes.</p> <p>24 Q. Okay. And was that part of your job at</p> <p>25 Google? 03:35:12</p> <p style="text-align: right;">Page 96</p>
<p>1 THE DEPONENT: Sorry, which pairing 03:31:03</p> <p>2 process?</p> <p>3 Q. (By Mr. Smith) The pairing process by</p> <p>4 which a YouTube Remote and a Leanback screen can be</p> <p>5 paired together by a user logging into the same 03:31:12</p> <p>6 YouTube account on both devices?</p> <p>7 A. From my recollection, no, this is not</p> <p>8 the -- not -- we don't refer to this as manual</p> <p>9 pairing.</p> <p>10 Q. Is there a name Google does use to 03:31:31</p> <p>11 refer -- excuse me -- to this type of pairing?</p> <p>12 A. Oh, wow, that was -- I -- I don't know</p> <p>13 what they called it. That was quite a long time</p> <p>14 ago.</p> <p>15 Q. Does Google use the term "manual pairing" 03:31:47</p> <p>16 to refer to a type of pairing between the YouTube</p> <p>17 Remote application and a Leanback screen?</p> <p>18 A. Manual pairing is, yes, another</p> <p>19 additional type of pairing.</p> <p>20 Q. And what type of pairing is that? 03:32:08</p> <p>21 A. I believe opposed to using Google</p> <p>22 accounts, the pairing process was initiated by a</p> <p>23 code.</p> <p>24 Q. And can you explain that a little bit</p> <p>25 further? 03:32:34</p> <p style="text-align: right;">Page 95</p>	<p>1 A. No, only as kind of like in -- in my home 03:35:16</p> <p>2 personally or like a private use, but I -- it was</p> <p>3 not part of my job.</p> <p>4 Q. Okay. So did you at one time own those</p> <p>5 products? 03:35:30</p> <p>6 A. Yes.</p> <p>7 Q. Do you still own those products?</p> <p>8 A. I may have one in the basement. I think</p> <p>9 so.</p> <p>10 Q. Do you know which product that is? 03:35:44</p> <p>11 A. It's one of the devices with the screen,</p> <p>12 I don't know exactly the model number, though.</p> <p>13 Q. Okay. And do you own any Chromecast, the</p> <p>14 dongle devices?</p> <p>15 A. I do. 03:36:07</p> <p>16 Q. And which Chromecast devices do you own?</p> <p>17 A. I believe it's a Chromecast Ultra.</p> <p>18 Q. And aside from the Chromecast Ultra and</p> <p>19 the display device that you own, do you own any</p> <p>20 other Google or Nest, you know, speakers or 03:36:33</p> <p>21 displays or Chromecast products?</p> <p>22 A. Apart from these, I don't think I -- I</p> <p>23 own other Google -- Google devices.</p> <p>24 Q. Are you familiar with the ability to</p> <p>25 group Google speakers or displays or Chromecast 03:36:53</p> <p style="text-align: right;">Page 97</p>

<p>1 A. You're saying how I personally used the 03:57:01 2 app to interact with? 3 Q. Yeah. How you personally used it. 4 A. I mean, in -- in many ways. Like one -- 5 one example is connecting to the Leanback screen 03:57:11 6 the same way that was shown in the videos by having 7 to, you know -- having signed in with the same 8 Google account. Controlling the playback of the 9 videos is another example. 10 Q. Did you ever use the YouTube Remote 03:57:39 11 application to control the playback on multiple 12 Leanback screens? 13 A. Yes. 14 Q. Do you recall how many screens? 15 A. How many screens like during my 03:58:00 16 internship or concurrently? 17 Q. Yeah, in any capacity, during your 18 internship or after, do you recall how many screens 19 you used with the YouTube Remote application? 20 A. Oh, a lot -- I -- I don't know exactly 03:58:18 21 how many, but, you know, like we tried to test this 22 on -- on various screens. 23 Q. Yeah. Now I'm realizing my question may 24 not have been clear. 25 So I'm talking about in a single session 03:58:32</p> <p style="text-align: right;">Page 110</p>	<p>1 (Recess taken.) 04:00:08 2 THE VIDEOGRAPHER: We're back on the 3 record. The time is 4:15 p.m. Central European 4 Summertime. 5 (Exhibit 33 was marked for identification 04:15:52 6 by the court reporter and is attached hereto.) 7 Q. (By Mr. Smith) Okay. Mr. Levai, I added 8 another document to the Google Drive, and this is 9 Exhibit 33. And the title of the document is "MDX 10 Communication Protocol V3 Differences," and the 04:16:15 11 Bates number is GOOG-SONOSWDTX-00052992 through 12 -53007. 13 Do you see that document, Mr. Levai? 14 A. Yes, I have it open. 15 Q. And do you recognize this document? 04:17:06 16 A. Yes, I do. 17 Q. And are you the author of this document? 18 A. Yes. 19 Q. And when did you create this document? 20 A. The date says January 31st, 2014. 04:17:30 21 Q. And then it looks like you updated the 22 document on February 3rd, 2014; is that correct? 23 A. Yeah, the -- the dates say that that's 24 when it was updated. 25 Q. Do you know if you updated it after that 04:17:49</p> <p style="text-align: right;">Page 112</p>
<p>1 using a YouTube Remote application with multiple 03:58:35 2 screens at the same time. 3 A. That sounds like an etch case. I don't 4 remember using multiple screens at the same time. 5 But it -- it does sound like an etch case 03:58:53 6 for me. Like why would I want to see a video on 7 multiple screens? 8 Q. Do you know how the system functioned 9 when you had a YouTube remote paired with multiple 10 screens in a single session? 03:59:18 11 A. I don't know exactly because that sounds 12 like an etch case, but I guess -- I guess looking 13 at the codes, like we would be able to figure out 14 exactly what would happen if -- if there are 15 multiple screens locked in with the same Google 03:59:34 16 account. 17 MR. SMITH: Okay. Why don't we take our 18 final -- I say our "final," I don't want to -- I 19 don't want to jinx it here -- our final break here, 20 and then I think I'll just have a couple questions. 03:59:58 21 Nima, if you could just send me -- well, 22 we can go off the record and then I'll -- and then 23 I'll talk to Nima. 24 THE VIDEOGRAPHER: Off the record, 25 4:00 p.m. 04:00:06</p> <p style="text-align: right;">Page 111</p>	<p>1 February 3rd, 2014 date? 04:17:52 2 A. I cannot tell you from this exported PDF, 3 but if I did, then that would be visible in -- in 4 like the document version history. 5 Q. And you're referring to like the 04:18:06 6 metadata, the last modify date? 7 A. Yes. 8 Q. Okay. And earlier when we were talking 9 your preparation for the deposition, you mentioned 10 a document about version 3 MDx differences. 04:18:22 11 Do you remember that? 12 A. Yes. 13 Q. Is this the document you were referring 14 to? 15 A. Yes, this was the document I was 04:18:37 16 referring to that's, I believe, not listed in my 17 declaration. 18 Q. And you mentioned that this document 19 refreshed your recollection regarding the subject 20 matter of the testimony today; is that correct? 04:18:50 21 A. Well, it refreshed my recollection about 22 the MDx protocol V3 changes compared to the 23 previous ones. 24 Q. And do you recall what specific changes 25 refreshed your recollection? 04:19:09</p> <p style="text-align: right;">Page 113</p>